



09533905 . 021202

Exhibit 10 has been filed under seal under separate cover

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24

VOLUME: I  
PAGES: 1-191  
EXHIBITS: 115-132

UNITED STATES DISTRICT COURT  
SOUTHERN DISTRICT OF CALIFORNIA

----- x  
GEN-PROBE INCORPORATED,  
Plaintiff,  
v. C.A. No.  
VYSIS, INC., 99CV2668 H (AJB)  
Defendant.

----- x  
CONFIDENTIAL - ATTORNEYS' EYES ONLY

DEPOSITION of JAMES C. RICHARDS  
March 30, 2001  
9:51 a.m.  
Westin Hotel  
70 Third Avenue  
Waltham, Massachusetts

Reporter: Michael D. O'Connor, RPR

Ex. 10 Pg. 51

20250720 906560

## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 Plaintiff in the case is Gen-Probe Incorporated  
2 and the Defendant in the case is Vysis, Inc.

3 Do you understand that Vysis is the  
4 successor to Gene-Trak Systems?

5 A. Yes.

6 Q. Let's discuss your educational  
7 background briefly. Vysis has produced some  
8 documents in the case which lead me to believe  
9 that I know something about your background, but  
10 I'd like to confirm it.

11 Did you obtain a Bachelor of Science  
12 in microbiology and chemistry from the  
13 University of Illinois?

14 A. Yes.

15 Q. When did you graduate?

16 A. 1970.

17 Q. Did you obtain a Ph.D. in microbiology  
18 and biochemistry from Southern Illinois  
19 University?

20 A. Yes.

21 Q. When did you obtain that degree?

22 A. '78, '79.

23 Q. And after you obtained your Ph.D. from  
24 Southern Illinois University, did you do

Ex. 10 Pg. 52

2025090509060420

## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 Q. Do you recall when you left DuPont to  
2 go to work for Amoco?

3 A. Yes.

4 Q. When was that?

5 A. December, '84, January, '85; that was  
6 the time. I don't know when I left. I think it  
7 was before Christmas of '84, but I can't  
8 remember exactly.

9 Q. When you joined DuPont you became  
10 program manager for the nucleic acid probe  
11 development group?

12 A. Excuse me, which company?

13 Q. When you joined Amoco --

14 A. Amoco, yes.

15 Q. -- in December of '84, January of '85,  
16 you became program manager for the nucleic acid  
17 probe development group?

18 A. I left DuPont December, '84. I  
19 started at Amoco February 1 of '85.

20 Q. Thanks. At that time what job --

21 A. Program manager, DNA probe  
22 development.

23 Q. Did you stay in that position with  
24 Amoco until you left for Gene-Trak?

Ex. 10 Pg. 53

202501 906E560

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 A. Yes.

2 Q. You left for Gene-Trak sometime in  
3 1986?

4 A. Roughly October, '86.

5 Q. So you were at Amoco from February of  
6 '85 to October of 1986?

7 A. Correct.

8 Q. While you were program manager of the  
9 nucleic acid probe development group at Amoco,  
10 what kind of work did you or your group do?

11 A. I was alone and I wrote the business  
12 plan for DNA probes for Amoco.

13 Q. When you say you were alone, there  
14 weren't people that reported to you?

15 A. No. Oh, wait a minute. Time out. I  
16 can't remember if Bach and Ryan and the  
17 engineers reported to me or Lawrie. It doesn't  
18 matter. I was doing business development.

19 Q. I'd like you to look at Exhibit 38,  
20 which ought be the next one in the book behind  
21 the '338 patent, which is an organizational  
22 chart. This organizational chart has been  
23 previously marked in the case as Exhibit 38. It  
24 appears to be --

Ex. 10 Pg. 54

20250709 09:50:50



CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 putting enzymes on Mark's target capturing  
2 method, removing noise, and generating a higher  
3 signal. So we used target capture and signal  
4 amplification, i.e., using the ELISA type  
5 approach. But we were also doing radioactive  
6 labels, and we were, of course, all aware of  
7 other things that were out there.

8 Q. Do you know who at Amoco had the  
9 original idea to combine target capture and some  
10 form of amplification?

11 A. It might have been Mark, but I don't  
12 remember.

13 Q. While you were at Amoco, did you ever  
14 have the understanding that Collins, King,  
15 Halbert and Lawrie had conceived of an invention  
16 that involved the combination of target capture  
17 and amplification?

18 A. John mentioned it to me once.

19 Q. What did he tell you, that you can  
20 remember.

21 A. Well, in writing the business plan, I  
22 was always concerned about rare targets, and one  
23 day John came into my office -- we were right  
24 down the hall at Amoco from each other -- and he

Ex. 10 Pg. 56

2025 OCT 20 9 56 AM '60

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 said, we've got a way to make more targets, and  
2 he described the method, and I didn't understand  
3 the method, because I had never used it in my  
4 research, and it was Klenow and some other  
5 stuff.

6 He explained you could do this in a  
7 way to make more target, and I said, what about  
8 PCR? He said, You could do PCR, but you could  
9 also use this, and I said, well, okay. Sounds  
10 good to me, and off he went. That was it. I  
11 mean, we didn't pursue it, because we had a  
12 clear business structure, and it was target  
13 cycling, and an enzyme label, and we were going  
14 to go do this new business, and I said, well,  
15 when you get it proven, come and see me  
16 basically.

17 Q. In part of your statement you used the  
18 term "rare targets." By that term are you  
19 referring to targets that are in a sample in low  
20 concentration?

21 A. Right.

22 Q. Did you ever have an understanding  
23 about how this invention was conceived, whether  
24 it was at a brainstorming meeting?

Ex. 10 Pg. 57

202500" 90666660



## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 did you live in the Chicago area?

2 A. '85 to '86, and I lived in Lisle.

3 Q. Outside of Chicago?

4 A. Next to Naperville about 100 feet or  
5 so; very close, next door.

6 Q. And when you went to work for  
7 Gene-Trak in about October of '86, did you move  
8 to the Boston area?

9 A. Framingham.

10 Q. Did Halbert, King, Collins and Lawrie  
11 also move from Amoco to Gene-Trak?

12 A. Yes, I believe so.

13 Q. Prior to the time that Gene-Trak was  
14 formed, were you involved in discussions or  
15 negotiations concerning the value of the  
16 respective contributions that were being made by  
17 Amoco and Integrated Genetics?

18 A. Me involved in the valuation? I don't  
19 remember.

20 Q. Were you involved in the negotiations  
21 between Amoco and Integrated Genetics?

22 A. No. No, as an absolute. Gar Royer  
23 and Ed Mason were the main Amoco, I believe,  
24 people involved in the face-to-face

Ex. 10 Pg. 59

20250720 0906550

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 A. Yes.

2 Q. About the same time?

3 A. About the same time.

4 Q. And he is shown here as being the  
5 manager of scientific affairs?

6 A. Yes.

7 Q. In that position, what did he do?

8 A. He was going to be in charge of  
9 clinical trials, setting up the ways --  
10 actually, his primary responsibility was to set  
11 up what we called our clinical reference  
12 laboratory, where we were going to bring in real  
13 clinical samples from patients to do probe  
14 capture of pathogens, and it had to be a BL-3  
15 lab, a containment facility. It was literally a  
16 full-time job just doing that. We set it up in  
17 a separate building.

18 Q. And as director of business  
19 development and licensing at Gene-Trak, what  
20 were your responsibilities?

21 A. Licensing technology, licensing in,  
22 licensing out, if we could. If R&D needed  
23 something, go out and find it, basically if they  
24 needed a new technology, go out and get a

Ex. 10 Pg. 60

20250120 09065660

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 license, constantly assessing the business plan,  
2 are we on target, setting milestones, assisting  
3 Connoy with the budget, making sure we were  
4 achieving our milestones. It's what business  
5 development is.

6 Q. So part of your job was dealing with  
7 the technology assets and the technology needs  
8 of R&D?

9 A. Yes, I think that's fair.

10 Q. Now, the technology assets of a  
11 company are sometimes referred to as  
12 intellectual property?

13 A. IP, yes.

14 Q. IP includes things like patents,  
15 trademarks, confidential business information?

16 A. Mostly in my case it was patents,  
17 memoranda of invention, trademarking, I guess,  
18 but it was handled mostly by the attorneys.

19 Q. When you say "patents," that would  
20 include issued patents and it would include  
21 pending patent applications?

22 A. In this case, I can tell you it was  
23 almost exclusively what we were inventing at  
24 Gene-Trak in the form of MOIs, and having them

Ex. 10 Pg. 61

2025030809560

## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 Q. And you were on that committee?

2 A. Correct.

3 Q. And the committee established  
4 priorities for filing patent applications based  
5 on the memorandum of invention?

6 A. Not completely. I mean, it had to  
7 have a business value. I mean, that's why I was  
8 there. Is this going to help us meet our  
9 milestones, or is this just extra stuff, but we  
10 aren't using it, so therefore, we've got to be  
11 working on the things that we need for  
12 commercialization. So there's business criteria  
13 is how you prioritize these.

14 Q. So would the patent committee both  
15 look at the science of a memorandum of invention  
16 and the business application of that science?

17 A. As it pertained to our existing  
18 milestones.

19 Q. While you were at Gene-Trak, were you  
20 involved in any out-licensing activities?

21 A. I don't remember.

22 Q. While you were at Gene-Trak, were you  
23 involved in any in licensing?

24 A. Yes.

Ex. 10 Pg. 62

20250906090000

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 Q. So in licensing would take place if  
2 some other company had technology or  
3 intellectual property that Gene-Trak was  
4 interested in using in its business?

5 A. Not just companies, but, yes. It  
6 could be universities, whatever. Somebody else  
7 owned it.

8 Q. If somebody else had some  
9 technology --

10 A. That we might need.

11 Q. -- that Gene-Trak thought might be  
12 useful, you would get involved in trying to  
13 license that technology for Gene-Trak?

14 A. Yes.

15 Q. Did Dr. Klinger get involved in  
16 licensing activities?

17 A. Yes.

18 Q. Were you involved in the negotiation  
19 of most of the licenses that Gene-Trak took?

20 A. Involved, yes.

21 Q. Were you involved in evaluating  
22 technologies that Gene-Trak was looking at to  
23 license?

24 A. Yes.

Ex. 10 Pg. 63

2025 RELEASED

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 There were others, other methods.

2 Q. There were other methods?

3 A. (Witness nods).

4 Q. There were other sequence specific  
5 methods before PCR?

6 A. Before PCR? I don't know the timing,  
7 but Salk, and there were others.

8 Q. Looking at Exhibit 45, if a  
9 presentation was made to the partnership  
10 committee meeting on patents in the summer of  
11 '87, is it likely that you made the  
12 presentation?

13 A. Yes.

14 Q. And if a presentation was made on  
15 nucleic acid amplification strategy, is it  
16 likely that Dr. Lawrie made the presentation or  
17 would you have made it?

18 A. It probably would have been me. This  
19 looks like it would have been me.

20 Q. Is there anything here that tells you  
21 it would have been you or suggests to you it  
22 would have been you?

23 A. Yes, because it looks like it came off  
24 of my Macintosh computer, the type. I recognize

Ex. 10 Pg. 64

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 of doing nucleic gymnastics. Discrete date,  
2 no. I don't have any discrete date or time. It  
3 was an ongoing intellectual discussion.

4 Q. I'd like you to look at, I think it's  
5 the fourth page of this pack of schematics,  
6 Exhibit 49. It's got a No. 4 in the upper  
7 left-hand corner, and it talks about specific  
8 capture, apparently followed by nonspecific  
9 amplification, and then another specific capture  
10 step. Do you see that?

11 A. Yes.

12 Q. Did you understand this to be the  
13 method that Dr. Lawrie had discussed with you,  
14 the Collins method?

15 A. Do you mean not looking at this?

16 Q. Right.

17 A. Yes. Again, the hexadecamer, Klenow,  
18 yes, that's what I remember.

19 Q. Hexadecamer, when you use that term,  
20 are you referring to a hexamer primer?

21 A. It was the one you could buy from  
22 commercial sources. They were, I think, random.

23 Q. So when you're using the term  
24 "hexadecamer primer," you're referring to a

Ex. 10 Pg. 65

202720"906E560

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 commercially available random hexamer primer?

2 A. That was my understanding of the  
3 nonspecific amplification concept.

4 Q. And that was what you understood Dr.  
5 Lawrie to have talked to you about?

6 A. Among others, yes.

7 Q. The fourth thought here on the fourth  
8 page of Exhibit 49 is a question, "Too close to  
9 Cetus." Do you see that?

10 A. Yes.

11 Q. Do you have any recollection of there  
12 being concern at Gene-Trak that the method of  
13 doing specific capture in conjunction with  
14 nonspecific amplification might be too close to  
15 the PCR method?

16 A. I don't remember that. This is not my  
17 thing. Somebody else did this stuff.

18 Q. I'd like you to look at what's  
19 previously been marked as Exhibit 53, if you  
20 would. Exhibit 53, the first page of Exhibit 53  
21 is entitled, "Partnership Committee Meeting,  
22 January 23, 1987." Item 7 on the list is  
23 "Patent Strategy," and your name appears  
24 opposite that.

Ex. 10 Pg. 66

20250720 906EE560

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 him?

2 A. Yes.

3 Q. When presentations on patents were  
4 given to the partnership committee, is it your  
5 recollection that you gave those presentations?

6 A. Yes.

7 Q. Was there a reason that you gave the  
8 presentations and not Mr. Janiuk or Mr. Hofer?

9 A. I don't believe I gave patent  
10 presentations. I think I talked about the  
11 business implications of what they might  
12 reflect. I didn't and don't understand claim  
13 language, then or now. I used to mess it up.  
14 So I stuck pretty much to the business  
15 relationship between the patent and claims and  
16 what we were trying to accomplish. I just stuck  
17 to the business.

18 Q. I'd like you to look back at Exhibit  
19 45, please.

20 A. Yes.

21 Q. I think you said when we looked at  
22 Exhibit 45 before that you're probably the  
23 author of Exhibit 45?

24 A. Yes.

Ex. 10 Pg. 67

20250-5066560



CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 specially tailored primers are needed, do you  
2 have any understanding why someone would then  
3 use specific primers?

4 MR. BANKS: Object to form.

5 A. You would want to use any kind you  
6 could, not just specific, nonspecific;  
7 anything. You would want all aspects.

8 Q. Looking at example four, the last  
9 paragraph, which is in Column 31, about  
10 Line 16 --

11 A. I'm sorry, repeat where the location  
12 is?

13 Q. About Line 16 of Column 31.

14 A. Okay.

15 Q. There's a reference there to the  
16 resulting nonspecific transcription. Do you see  
17 that?

18 A. Yes.

19 Q. Example five, the first paragraph, do  
20 you see that it refers to nonspecific  
21 replication?

22 A. Oh, I see it.

23 Q. Is it your understanding that example  
24 five is describing a method in which nonspecific

Ex. 10 Pg. 69

2025 RELEASE UNDER E.O. 14176

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 primers are used?

2 MR. BANKS: Object to form.

3 A. That's what it says, I think.

4 Q. The same with example six. Do you see  
5 in example six, which is column 31, at about  
6 Line 63, the example refers to the use of random  
7 hexamer primer oligonucleotides?

8 A. Right.

9 Q. Example six is a method describing  
10 nonspecific primers?

11 MR. BANKS: Object to form.

12 Q. Is that correct?

13 A. I'm reading it, yes.

14 Q. And example seven, which is column 32,  
15 at about Line 13, it talks about replicating  
16 nonspecifically. Do you see that?

17 A. What it says is it's a precise  
18 transcript is purified. I'm reading it, but I'm  
19 not sure in this case what the specificity is  
20 imparted. The hybrid duplex is then denatured.  
21 I can read. I'm not sure what the -- I have to  
22 look at the -- is there a figure for this?

23 Q. I don't think that there is.

24 A. It sounds like there's specificity

Ex. 10 Pg. 70

202508050

## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 involved in the capture probe. I'm sorry,  
2 what's the question in No. 7?

3 Q. Is it your understanding that the  
4 amplification step in example seven uses  
5 nonspecific primers?

6 A. Does it use nonspecific primers? It  
7 appears that's what it says.

8 Q. So when we look at examples five, six  
9 and seven, all of them use nonspecific primers  
10 in the amplification step?

11 A. In some aspect.

12 MR. BOWEN: Take a five-minute break.

13 VIDEOGRAPHER: Off the record. The  
14 time is 2:04.

15 (Recess)

16 VIDEOGRAPHER: Back on the record.

17 The time is 2:17.

18 BY MR. BOWEN:

19 Q. Dr. Richards, when you were at  
20 Gene-Trak, did you ever have an understanding  
21 that Gene-Trak, as an organization, thought that  
22 using random primers and target capture might be  
23 a method that was more suitable for automation  
24 than PCR?

Ex. 10 Pg. 71

## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 patents to the partnership committee, the  
2 management committee of Gene-Trak, you were the  
3 person who made the presentations?

4 MR. BANKS: Object to form.

5 MR. BOWEN: What don't you like about  
6 it?

7 MR. BANKS: Lack of foundation.

8 MR. BOWEN: Okay.

9 Q. When presentations on patents were  
10 made to the partnership committee, did you make  
11 the presentations?

12 A. Yes.

13 Q. And you did that about once a quarter?

14 A. Yes.

15 Q. You had been on the patent committee?  
16 By December of 1989, you had been on the patent  
17 committee for Gene-Trak for a number of years?

18 A. Yes.

19 Q. You had access to and discussed patent  
20 matters with Gene-Trak's patent counsel?

21 A. Yes.

22 Q. You discussed the application for the  
23 '338 patent with Gene-Trak's patent counsel?

24 A. I don't remember.

Ex. 10 Pg. 72

202720-8066560

## CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 Q. You made presentations on target  
2 capture patents to the scientific advisory board  
3 of Gene-Trak?

4 A. Yes.

5 Q. Let me show you what we will mark as  
6 Exhibit 121, which is a document entitled at the  
7 top "Business Development, August 3, 1988."

8 Do you believe you prepared Exhibit  
9 121?

10 (Document marked as Exhibit 121  
11 for identification)

12 A. I believe so, yes.

13 Q. Exhibit 121 is an evaluation of  
14 patents and licenses?

15 A. Yes.

16 Q. You evaluated these technologies as  
17 part of your job as director of business  
18 development and licensing?

19 A. Yes.

20 Q. In December, 1989, what were your  
21 sources of understanding about what the pending  
22 patent application for the technology that's  
23 covered by the '338 patent was about? what were  
24 your sources of information for your

Ex. 10 Pg. 73

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 understanding?

2 A. What date?

3 Q. December, 1989.

4 A. What was my understanding?

5 Q. As of December, 1989, did you have an  
6 understanding about what technology was covered  
7 by the '338 patent?

8 A. Yes.

9 Q. What were your sources of information  
10 for that understanding?

11 A. My recollection of my conversations  
12 with John years before, and just simply a  
13 nonspecific way of amplifying.

14 Q. I will show you what we will mark as  
15 Exhibit 131 to your deposition. Last week, did  
16 you remember writing a letter to Dr. Orgell in  
17 December, 1989 concerning the subject matter of  
18 the '338 patent?

19 (Document marked as Exhibit 131  
20 for identification)

21 A. Last week?

22 Q. Yes.

23 A. I do not remember seeing this until I  
24 saw it the other day.

Ex. 10 Pg. 74

20250906 09063560

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 Dr. Orgell --

2 A. Orgell.

3 Q. -- Amoco was a partner in Gene-Trak?

4 A. Yes.

5 Q. Amoco owned half of Gene-Trak; is that  
6 right?

7 A. A large percentage. I don't remember  
8 how much.

9 Q. And Dr. Orgell was the general manager  
10 of research at Amoco Technology?

11 A. Yes.

12 Q. In the corporate ladder, is Dr. Orgell  
13 up the ladder from you?

14 A. Oh, yes. He's Amoco. I was not in  
15 Amoco.

16 Q. He worked directly at Amoco?

17 A. No. I was a Gene-Trak employee.

18 Q. Amoco owned half of Gene-Trak?

19 A. Yes.

20 Q. Did you consider Dr. Orgell, in any  
21 sense, to be one of your bosses?

22 A. I considered him like a venture  
23 capital -- I mean, he's a finance -- he's one of  
24 the people that bankrolls the company, and a guy

Ex. 10 Pg. 75

2025-09-06 09:06:55

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 I have to convince to pursue technology.

2 Q. Looking at the people who received ccs  
3 of this letter, Patrick Connoy was your boss at  
4 Gene-Trak?

5 A. Yes.

6 Q. Dr. Royer was another bigwig at Amoco  
7 Technology?

8 A. He was my boss at Amoco.

9 Q. He was on the Gene-Trak scientific  
10 advisory board?

11 A. Yes.

12 Q. He had been at scientific advisory  
13 board meetings where you made presentations on  
14 the target capture patents?

15 A. Yes.

16 Q. Was he also on the partnership  
17 committee?

18 A. Yes.

19 Q. Was Dr. Orgell on the partnership  
20 committee?

21 A. No, not that I remember.

22 Q. Now, a cc apparently of this letter,  
23 Exhibit 131, also apparently went to Mr.  
24 Carpenter?

Ex. 10 Pg. 76

20250720 095555

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 A. Yes.

2 Q. I think you've already said that he  
3 was the president of Gene-Trak and worked at  
4 Integrated Genetics and then Gensyme?

5 A. Yes.

6 Q. At some point in time Integrated  
7 Genetics merged with Gensyme; is that right?

8 A. Yes.

9 Q. When you wrote letters to Dr. Orgell  
10 and sent copies to Mr. Connoy and Dr. Royer and  
11 Mr. Carpenter, did you try to be accurate?

12 A. I tried to be accurate, yes.

13 Q. I'd like you to look at Page 1 of the  
14 letter. You had a chance, when you went with  
15 Mr. Banks, to read your description here on  
16 Pages 1 and 2 of Technology Asset No. 1?

17 A. Yes.

18 Q. And after reading that, did you have  
19 the understanding that what's set forth here is  
20 a discussion of the subject matter of the '338  
21 patent?

22 MR. BANKS: Object to form.

23 A. I only knew this then as however I  
24 reference -- I don't know. It's just something

Ex. 10 Pg. 77

20250720 0906550

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 of that ever change your understanding about  
2 what the patent covered?

3 A. I'm sorry.

4 Q. That was a terrible question, wasn't  
5 it.

6 A. I don't understand.

7 Q. Whether you were right or wrong, the  
8 letter sets forth your impression at the time of  
9 what technology was covered by a patent  
10 application that was pending?

11 MR. BANKS: Object to form.

12 A. I will repeat this again. I assumed  
13 this was the same stuff John had talked to me  
14 about years before. I didn't want to see it  
15 drop. It's that simple. There isn't any more  
16 or less to it.

17 Q. The letter does, though, set forth  
18 your understanding of what the technology was?

19 A. Yes, as I understood it, and as I  
20 could relay it.

21 Q. Did your understanding ever change  
22 after you wrote the letter?

23 A. No, I don't think so.

24 Q. Did anybody who got a copy of the

Ex. 10 Pg. 78

2025-09-09 09:00:00

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 letter call you or write you and tell you you  
2 had inaccurately described the technology?

3 A. I don't remember. I don't remember.  
4 I don't even know if they read it.

5 Q. But you don't remember anybody calling  
6 you --

7 A. I don't remember that.

8 Q. I'm sorry, I've got to get the whole  
9 question out.

10 You don't remember anybody calling you  
11 and telling you you had incorrectly described  
12 the technology?

13 A. I don't remember.

14 Q. As you sit here today, do you have any  
15 reason to believe that you misunderstood the  
16 technology covered by the pending patent  
17 application?

18 A. No. I think it's -- what I've read,  
19 no.

20 Q. Do you know why there's no reference  
21 in the patent to PCR type amplification?

22 A. No. I didn't write it.

23 Q. Now, in 1986/1987, a scientist who was  
24 going to use nonspecific amplification would

Ex. 10 Pg. 79

20250"906E560

CONFIDENTIAL - ATTORNEYS' EYES ONLY

1 come from Tony. But this stuff on and on, you  
2 go on. Temperature required, another approach  
3 would be to transcriptase. All of this was free  
4 form text writing. I was trying to sell Carl  
5 Orgell to pick this thing up. I didn't want to  
6 get too technical, or he would put it down,  
7 which is probably what everybody did anyway.

8 Q. You wanted to be accurate in  
9 describing --

10 A. Tried to be as accurate as possible.

11 Q. We've talked about Tony here in our  
12 recent conversations. Tony was Tony Janiuk?

13 A. Yes.

14 Q. And he was Gene-Trak's patent counsel?

15 A. He sat across the way.

16 Q. Yes, he was Gene-Trak's patent  
17 counsel?

18 A. Yes.

19 Q. And you had discussions with him about  
20 the CIP application?

21 A. Yes, clearly.

22 Q. In 1989, did you have any  
23 understanding at all of the term "reduction to  
24 practice"?

Ex. 10 Pg. 80

20250720 095900 0120